

1 Tuesday, 4 July 2023

2 [Open session]

3 [The accused appeared via videolink]

4 --- Upon commencing at 9.30 a.m.

5 PRESIDING JUDGE VELDT-FOGLIA: Good morning and welcome.

6 Court Officer, can you please call the case.

7 THE COURT OFFICER: This is file number KSC-BC-2020-04, The
8 Specialist Prosecutor versus Pjeter Shala.

9 PRESIDING JUDGE VELDT-FOGLIA: Thank you.

10 Firstly I kindly ask you to indicate who is present today in
11 court.

12 Mr. Prosecutor, you have the floor.

13 MR. DE MINICIS: Good morning. For the Prosecution,
14 Line Pedersen, Gaia Pergolo and Filippo De Minicis. Thank you.

15 PRESIDING JUDGE VELDT-FOGLIA: Thank you.

16 Victims' Counsel, please.

17 MR. LAWS: Good morning, Your Honours. I'm Simon Laws, counsel
18 for the victims in this case.

19 PRESIDING JUDGE VELDT-FOGLIA: Thank you.

20 Defence Counsel.

21 MR. GILISSEN: Thank you very much, Your Honour. Good morning.
22 We are here with Mr. Shala and Jean-Louis Gilissen, the lead counsel.
23 The two co-counsel, Ms. Cariolou and Mr. Aouini are there. Ms. Kolbe
24 is with us as review -- I always forget this name, review assistant.
25 And we have Ms. Petravica, our case manager. Thank you very much.

1 PRESIDING JUDGE VELDT-FOGLIA: Thank you. All noted.

2 Mr. Shala, can you confirm that can you hear me well.

3 Mr. Shala, I cannot hear --

4 THE ACCUSED: [via videolink] [Interpretation] I hear you very
5 well. Thank you.

6 PRESIDING JUDGE VELDT-FOGLIA: Thank you.

7 Today we will continue with the testimony of
8 Mr. William Goodwin. He is an expert called by the Specialist
9 Prosecutor's Office.

10 Before we start with the witness's testimony, there are two oral
11 orders we would like to issue, short oral orders.

12 One relates to a public redacted version that should still come
13 in pursuant to our decision, which is filing 538, on the decision on
14 the Prosecution motion for judicial notice of facts of common
15 knowledge and adjudicated facts. We ordered the Defence to file by
16 last Friday the public redacted version of Annex 2 to the Defence
17 response to the Prosecution motion, which is filing 507. We have not
18 received anything up till now. And we direct the Defence to issue --
19 or to file by next Friday, 7 July, that is, a public redacted version
20 of Annex 2 of filing 507 and including the redactions already applied
21 by the SPO.

22 That concludes the Panel's first oral order.

23 And we have a second oral order, and that pertains to a request
24 made by the Defence yesterday, Monday, 3 July, at 17 minutes past
25 7.00, and it concerns two items, to add two items to its list of

1 material to be used during cross-examination with the witness of
2 today.

3 The documents bear the following ERN number. I will say them
4 slowly. DPS00237 till DPS00258, and the other document, DPS00259
5 till DPS00269 respectively. They were disclosed yesterday in
6 disclosure package 139.

7 The Defence submits that it made this request following a
8 consultation with the forensic expert. We note that no objections
9 were raised by the SPO and by Victims' Counsel. And we authorise,
10 therefore, to add these items in the list of items to be used during
11 cross-examination of Witness W04875.

12 And this concludes also our second oral order.

13 And if there is nothing else to raise or to discuss with the
14 Panel, we can now proceed with the testimony of the expert witness.

15 Mr. Goodwin will testify without in-court protective measures.
16 We might go, like we have done with the other witnesses, in some
17 occasions to private sessions if there is a need to protect the
18 identity of other witnesses and victims at risk on account of their
19 cooperation with the Specialist Chambers.

20 For today, we have organised three sessions of one and a half
21 hour, and I don't foresee to make any changes in the order of the
22 day, but let us see how the day proceeds.

23 Very well. Madam Court Clerk, could you please usher the
24 witness in.

25 [Trial Panel and Court Officer confer]

1 [The witness entered court]

2 PRESIDING JUDGE VELDT-FOGLIA: Mr. Goodwin, good morning.

3 THE WITNESS: Good morning.

4 PRESIDING JUDGE VELDT-FOGLIA: And welcome to the
5 Specialist Chambers.

6 THE WITNESS: Thank you.

7 PRESIDING JUDGE VELDT-FOGLIA: Mr. Shala, can you hear the
8 witness?

9 THE ACCUSED: [via videolink] [Interpretation] Yes, I do.

10 PRESIDING JUDGE VELDT-FOGLIA: Thank you.

11 Mr. Goodwin, how are you today?

12 THE WITNESS: I'm very good. Thank you.

13 PRESIDING JUDGE VELDT-FOGLIA: Very well. Mr. Goodwin, we will
14 start today with your testimony, and before we begin, I have several
15 remarks.

16 THE WITNESS: Okay.

17 PRESIDING JUDGE VELDT-FOGLIA: You are called to testify before
18 the Specialist Chambers in the case of The Specialist Prosecutor
19 versus Pjeter Shala to assist the Panel to reach a verdict. We will
20 first ask you to take your solemn declaration, and after that, you
21 will be asked questioned by the counsels for the Specialist
22 Prosecutor's Office, Victims' Counsel, and the counsel for the
23 Defence, and we might have a second round. And at the end, maybe
24 there are questions from the Judges.

25 THE WITNESS: No problem. Thank you.

1 PRESIDING JUDGE VELDT-FOGLIA: Very well. I would like to
2 provide you with some guidance for answering the questions that will
3 be put to you.

4 Mr. Goodwin, please, listen carefully to each question and if
5 you don't understand, feel free to ask that the question will be
6 posed to you again or clarified or repeated. We want you to give
7 your expertise to the best of your knowledge and to state what your
8 findings and opinions are.

9 Please answer the questions put to you. If we need further
10 clarification, we will ask and proceed doing so.

11 There's also some practical advice I would like to share with
12 you, and that is that everything that we say here is translated and
13 recorded. And it is therefore important to speak into the
14 microphone, to speak at a slow pace, and to speak clearly.

15 What is also very important, that you should only start speaking
16 when the person asking you a question has finished. We should not
17 have overlapping speakers. So it can be helpful to count till five
18 in order to be sure that everything has been translated and
19 transcribed. Yes?

20 If I raise my hand, I ask you to stop talking. Because if I
21 would ask you to stop talking, we would have overlapping speakers.
22 So that's the reason that I raise my hand in order to ask you to --
23 not to continue.

24 It could be that I will ask you to leave the courtroom if there
25 is a need to discuss something with regard to the question that is

1 posed to you in order to avoid to influence you.

2 If you have any questions, if you need a break or there's
3 something else you would like to share with the Panel, please raise
4 your hand and I will give you the floor.

5 Understood all this?

6 THE WITNESS: Yes, thank you.

7 PRESIDING JUDGE VELDT-FOGLIA: I see you nodding. Very well.

8 I will ask you now to take your solemn declaration. Madam Court
9 Usher will assist you. And in the meantime, I remind you it is an
10 offence within the jurisdiction of the Specialist Chambers to give a
11 false testimony. Do you understand that?

12 THE WITNESS: I do, yes.

13 PRESIDING JUDGE VELDT-FOGLIA: Very well. Please you may read
14 it out.

15 THE WITNESS: Conscious of the significance of my testimony and
16 my legal responsibility, I solemnly declare that I will perform my
17 expert analysis conscientiously and to the best of my knowledge, and
18 that I will state my findings and opinions accurately and completely.

19 PRESIDING JUDGE VELDT-FOGLIA: Thank you, Mr. Goodwin. You are
20 now under oath.

21 We can begin with your testimony, starting with the questioning
22 by the Specialist Prosecutor's Office.

23 I noted the time you estimated that you will be using, so you
24 have the floor.

25 MR. DE MINICIS: Thank you, Your Honours.

Witness: William Goodwin (Open Session)

Page 2214

Examination by Mr. De Minicis

1 WITNESS: WILLIAM GOODWIN

2 Examination by Mr. De Minicis:

3 Q. Good morning, professor.

4 A. Good morning.

5 Q. Professor, could you please state your name and surname for the
6 record.

7 A. My name is William Goodwin.

8 Q. And your date and place of birth?

9 A. My date of birth is 21 May 1969. And the place of birth is
10 Stoke on Trent, UK.

11 Q. You have provided us with your CV which lists in detail your
12 studies, professional memberships, past and present occupations as
13 well as your publications. I will not ask you to repeat them all
14 here as we intend to tender your CV in evidence. But I will just ask
15 you some questions or some of the information recorded in it to allow
16 us to laymen to better understand, for example, the field of your
17 expertise.

18 Professor, I understand that you are currently teaching in the
19 United Kingdom at the University of Central Lancashire; is that
20 correct?

21 A. That is correct, yes.

22 MR. DE MINICIS: Your Honours, could we please have the CV of
23 the expert on the screen. That would be ERN 103392-103400 RED.

24 PRESIDING JUDGE VELDT-FOGLIA: Please proceed, Mr. Court
25 Officer.

Witness: William Goodwin (Open Session)
Examination by Mr. De Minicis

Page 2215

1 MR. DE MINICIS: Thank you. If we could zoom in just a little,
2 please.

3 Q. Professor, I see that under Current Post it reads: Reader in
4 Forensic Genetics, Course Leader for MSc in Forensic DNA Profiling
5 and MSc Forensic Science at the School of Natural Science of the
6 university of Lancashire.

7 Could you explain in a few words what the science of forensic
8 genetics is concerned with.

9 A. So I would describe myself as a forensic geneticist. And so
10 that is really the application of molecular biology to forensic
11 problems, typically either identification of evidence left at a crime
12 scene and matching that to a suspect or my specialty is using it for
13 establishing relationships in both living persons and deceased
14 persons.

15 Q. In fact, that kind of answers what my next question was which is
16 whether in your career you have worked in the identification of the
17 paternity and lineage testing.

18 A. Yes. In my previous position, which was at the University of
19 Glasgow, I established a relationship testing laboratory within the
20 department of forensic science and medicine, or forensic medicine and
21 science, I should say. And so that involved case work both from
22 members of the public, the police forces, and also agencies involved
23 with disaster victim identification.

24 Q. Professor, you have been asked by my office to look at two DNA
25 identification reports prepared by a Czech laboratory. The first

Witness: William Goodwin (Open Session)
Examination by Mr. De Minicis

Page 2216

1 time we retained your expertise was in 2021 and we did so again in
2 2023.

3 Do you have experience, are you familiar with the specific
4 testing methods used by the Czech laboratory in these two reports
5 that we had asked you to look at?

6 A. Yes. The methodology used in the two reports is quite standard
7 methodology used in forensic genetics, and I'm familiar with the
8 methods that they used in general.

9 Q. Professor, we -- I am not going to ask you to repeat the
10 information that is already contained in your reports. I will just
11 start by asking you to authenticate them, and then I will ask you
12 some follow-up questions for the benefit of our understanding.

13 MR. DE MINICIS: Your Honours, I would like to have the first
14 report prepared by Professor Goodwin on the screen for reasons of
15 authentication and --

16 PRESIDING JUDGE VELDT-FOGLIA: Please proceed, Court Officer.

17 MR. DE MINICIS: The ERN would be 103373-103387 RED.

18 PRESIDING JUDGE VELDT-FOGLIA: Mr. Prosecutor, I think it's
19 helpful if we would talk -- would adjust our pace a little bit.

20 MR. DE MINICIS: Yes, okay. Will do.

21 Q. Now, sir, this is the report that you prepared for us on 30th
22 of -- well, in July 2021. This was the date of the letter of
23 instructions. This report contains your opinion on a number of
24 different DNA identifications. Now, only one of them is relevant for
25 this specific case, so the other information has been redacted. It

Witness: William Goodwin (Open Session)
Examination by Mr. De Minicis

Page 2217

1 concerns victims which are not concerned with this case.

2 MR. DE MINICIS: But if I could ask the Court Officer to move to
3 page 15 of this report.

4 Q. Professor, we see your name there. And is that your signature
5 on top of your name and date there?

6 A. That is my signature, yes.

7 PRESIDING JUDGE VELDT-FOGLIA: Sorry. We need to wait at least
8 five seconds between the answers and the questions because we are
9 here speaking in English among ourselves, but we need translation for
10 Mr. Shala. And I understand that it is -- we have to adapt ourselves
11 to that.

12 MR. DE MINICIS: I will keep that in mind, Your Honour. The
13 first time we do this with a witness in the same language.

14 Q. Professor, did you prepare this report yourself?

15 A. I did.

16 Q. Thank you. Now, I would like to move on to the next report that
17 you prepared for the SPO in 2023. It's a shorter report and it only
18 concerns a specific case in this, that is concerned with this trial.

19 MR. DE MINICIS: And could I please have ERN 111160-111162 on
20 the screen.

21 PRESIDING JUDGE VELDT-FOGLIA: Please proceed.

22 MR. DE MINICIS:

23 Q. Now, professor, as you can see on the first page of this report,
24 next to "Victim" there is a name that we are not going to say in
25 public session. I will be referring to that person, if necessary,

Witness: William Goodwin (Open Session)
Examination by Mr. De Minicis

Page 2218

1 throughout our examination, as subject C, and I please ask you to do
2 the same.

3 A. Mm-hm.

4 Q. Now, in this report, you were asked to provide your views on an
5 updated report prepared by the Czech institute, which is for the
6 record, ERN 110670-110674, which contained new calculations of the
7 DNA profiles that were extracted from samples provided to the Czech
8 lab in 2009.

9 Professor, did you personally prepare this report?

10 A. I did.

11 Q. I have a small number of follow-up questions --

12 PRESIDING JUDGE VELDT-FOGLIA: Mr. Prosecutor, just one
13 correction for the record. I see in line 10 -- page 10, line 8, that
14 the report is dated 2003, but it must be 2023 if I'm not mistaken.

15 MR. DE MINICIS: Yes, it is indeed dated 2023, Your Honour.

16 PRESIDING JUDGE VELDT-FOGLIA: Yes. Okay. So that is now on
17 record. Thank you. Please proceed.

18 MR. DE MINICIS: Thank you.

19 Q. Now, if we could move to page 2 of the report. Page 2 of the
20 report, you stated that the analysis of the Y chromosome adds weight
21 to the hypothesis that subject A - subject A would be the first of
22 the two names in the first full paragraph that you see there - is the
23 biological father of the person from whom the bone sample was taken,
24 or at least related through paternal lineage. However, because the Y
25 chromosome data was not provided in the report, it was difficult to

1 estimate that magnitude of that support.

2 Now, my first question is: On 27 June 2023, I provided you with
3 the data used for the Y chromosome analysis. Did you have an
4 opportunity to review that data?

5 A. I did.

6 Q. Upon review of this data, is it still your view that the Y
7 chromosome analysis adds weight to the hypothesis that subject A is
8 the biological father of the person from whom the bone sample was
9 taken?

10 A. It is.

11 Q. Based on the data of the Y chromosome calculation, are you able
12 to provide any estimate of the magnitude of the support that the
13 matching of the Y chromosome provides to the findings in the updated
14 report?

15 A. I have carried out some analysis to gauge the strength of that
16 match.

17 Q. In the updated report, the Czech institute provided a likelihood
18 ratio, which I understand is based on a -- not the Y chromosome
19 calculation but on a different type of calculation. And this
20 likelihood ratio makes a biological relation approximately 11 million
21 times more likely than not. Is that correct?

22 A. That is correct, yes.

23 Q. Is there a standardised way to combine the likelihood ratio
24 stated in that report with the results of the Y chromosome
25 calculation?

1 A. It is accepted that the Y chromosome behaves differently to the
2 other chromosomes and so the results can be combined, and we can add
3 weight to that original likelihood ratio. How that is done, there is
4 a large degree of variation within the forensic community because of
5 the desire to be conservative in the presentation of evidence. With
6 the caveat that with Y chromosome it is not just looking at
7 father/son relationship but would match other relatives on the
8 paternal lineage. So siblings, cousin -- paternal cousins,
9 et cetera.

10 So the -- there is a way to estimate the frequency of the Y
11 chromosome presented by the Czech laboratory. The generally accepted
12 method for the forensic community or at least a generally accepted
13 method for the forensic community is to use a database called YHRD,
14 which is the Y human reference database, and this is a collation of
15 different studies which detail the frequency of Y chromosomes in
16 different populations.

17 Q. Let's take a conservative approach. And would it be possible
18 for you to provide us with -- if we were to combine the likelihood
19 ratio as stated in the Czech report --

20 A. Mm-hm.

21 Q. -- with the Y chromosome calculations, based on a conservative
22 approach, how would that likelihood ratio be affected numerically?

23 A. So I when I searched the YHRD, I used a southern -- a
24 south-western population as my target. So that looked at Y
25 chromosomes in south-western Europe, which includes the Balkans and

Witness: William Goodwin (Open Session)

Page 2221

Examination by Mr. De Minicis

1 to southern Italy, and the match frequency was approximately 1 in
2 280, approximately. I'm rounding down to be a little bit
3 conservative. We can apply corrections to that, statistical
4 corrections to using sort of established methodology for estimating
5 frequencies, and what we usually do is take the most conservative end
6 of that. That brings us down to a match of around 1 in 180
7 individuals.

8 So how that would be combined would depend on policies of
9 individual laboratories. Some may arbitrarily just say, okay, we
10 don't want to overestimate it, so we will -- I would suggest a very
11 conservative approach would be to use a ten-fold increase. That
12 would be very conservative based on the frequency of that haplotype
13 in the population. So that would increase it to 1 in around 110
14 million rather than 11 million.

15 If we're combining based on the frequency of the occurrence,
16 then, again, quite a conservative approach would be around a 100-fold
17 increase which would take us to a likelihood ratio in the region of
18 1 in a billion.

19 MR. AOUNI: Excuse me, Your Honour. I think we have a
20 discrepancy with the numbers, the matching ratios. We have one in
21 2831 and 2830, and then 1 in 180. And I believe I heard something
22 different. I believe I heard 1 in 280. Maybe we can clarify this
23 number.

24 PRESIDING JUDGE VELDT-FOGLIA: Thank you, Defence Counsel. I
25 had noted them here for myself.

Witness: William Goodwin (Open Session)

Page 2222

Examination by Mr. De Minicis

1 Mr. Prosecutor, could we go through the number 2830 and 180,
2 just to see if that was the number ...

3 MR. DE MINICIS:

4 Q. Sometimes we have a -- just the transcript here, at line 12,
5 page 13, state that the match frequency was 1 in 2830, but perhaps
6 that's not the number you stated.

7 A. The match, the raw match -- when we look at the database, with
8 this Y chromosome profile we get a match in 1 in 280 -- I believe the
9 numbers are 283 individuals. It's the raw match profile.

10 When apply statistical corrections to that to be -- and take the
11 most conservative interpretation, it comes down to around
12 approximately 1 in 180 matches. 1 in 180 individuals.

13 PRESIDING JUDGE VELDT-FOGLIA: Thank you.

14 MR. DE MINICIS:

15 Q. Thank you, professor. So you told us how by combining the two
16 likelihood ratios of the two type of analysis we can have a very
17 conservative approach which give us a likelihood ratio which is ten
18 times the one stated in the report. You could use less conservative
19 approach which would increase that 100 times.

20 Within the scientific community are there any guide-lines that
21 we could consider generally accepted that could help us understand
22 the strength of these numbers with regard to the possibility of an
23 identification? In other words, are there words that are applicable
24 these numbers that you can help us with?

25 A. Yes. There are verbal qualifiers of likelihood ratios which are

Witness: William Goodwin (Open Session)

Page 2223

Examination by Mr. De Minicis

1 designed to try and facilitate the understanding of the numbers.

2 There is an organisation called ENFSI, which is the European Network
3 of Forensic Science Institutes, and that provides a guidance of how
4 to turn a likelihood ratio into a verbal qualification.

5 Q. Now, starting from the likelihood ratio stated in the Czech
6 report, without combining that with the likelihood ratio of the Y
7 chromosome calculation, how would that be characterised according to
8 these guidelines?

9 A. I believe the classification for a million and over is extremely
10 strong evidence in support of that proposition over the alternative
11 proposition.

12 Q. Thank you, professor.

13 A. The guideline stops at 1 million. It doesn't go over 1 million.

14 Q. Thank you.

15 MR. DE MINICIS: Your Honours, I have no further questions for
16 this witness.

17 PRESIDING JUDGE VELDT-FOGLIA: Thank you.

18 THE WITNESS: Can I just clarify just for the record. The
19 counsel had been very generous in promoting me to professor, whereas,
20 in fact, my actual title is reader. So just for the record.

21 PRESIDING JUDGE VELDT-FOGLIA: Thank you. We noted that.

22 The examination by Specialist Prosecutor's Office for now has
23 been finalised. We will continue with the Victims' Counsel, and I
24 will now see if he has any questions to put to you.

25 MR. LAWS: Your Honour, we have no questions. Thank you.

Witness: William Goodwin (Open Session)

Page 2224

Cross-examination by Ms. Cariolou

1 PRESIDING JUDGE VELDT-FOGLIA: Thank you.

2 Then I will give the floor to the Defence Counsel.

3 Mr. Goodwin, it's the Defence Counsel will now be posing
4 questions to you.

5 Cross-examination by Ms. Cariolou:

6 Q. [Microphone not activated]

7 A. Good morning.

8 Q. Good morning, sir, for the record. I'm Leto Cariolou, and I
9 will be putting some questions to you regarding the DNA reports
10 presented in this case on behalf of Mr. Shala who is the accused.

11 So let's start with the -- in the 2009 report that you have
12 reviewed, specifically on page 3.

13 MS. CARILOU: That is on ERN page SITF00012455.

14 PRESIDING JUDGE VELDT-FOGLIA: Please proceed, Mr. Court
15 Officer.

16 MS. CARILOU: If we could have page 3 of the report. Right.
17 Thank you.

18 Q. We see the bone fragment was "in very bad condition," but it was
19 highly probable, and I quote, that the remains went through the
20 procedure of saponification, and that repeated DNA extractions were
21 performed which yielded partial DNA profiles.

22 In these circumstances, Mr. Goodwin, is it fair to understand
23 that the DNA quantities extracted from the bone fragment were small,
24 or even perhaps very small, and that the DNA profile was degraded?

25 A. Yes, it is. Based on what is written here, it would be a

Witness: William Goodwin (Open Session)
Cross-examination by Ms. Cariolou

Page 2225

1 reasonable assumption that the DNA was either highly degraded or --
2 and in low amounts.

3 Q. Thank you.

4 PRESIDING JUDGE VELDT-FOGLIA: May I ask to wait some seconds
5 before replying to the question of Defence Counsel. That will assist
6 our interpreters. Thank you.

7 THE WITNESS: Thank you.

8 MS. CARILOU: Thank you, Your Honours.

9 Q. Can we please confirm whether small quantities of DNA and DNA
10 degradation can lead to failure to observe some alleles that are in
11 fact present in the DNA profile in question?

12 A. Yes, this is possible. We can either have loss of whole DNA
13 markers or partial loss of markers.

14 Q. And I'll wait for -- for the translation.

15 Can you please explain to the Judges that allele dropout as used
16 in forensic genetics, briefly.

17 A. Allele dropout is where we should see an allele but we don't
18 because either of degradation or there not being enough DNA to carry
19 out the analysis successfully.

20 Q. Thank you. And perhaps you have already answered my next
21 question which is can you please explain when allele dropout is
22 observed.

23 A. Typically when we have either highly degraded DNA or low
24 quantities of DNA.

25 Q. And I will wait for the translation.

1 PRESIDING JUDGE VELDT-FOGLIA: Thank you very much.

2 MS. CARILOU:

3 Q. Can you comment on the occurrence of partial profiles in allelic
4 dropout when it comes to the identification of specifically skeletal
5 remains? If you could comment on the occurrence.

6 A. It can occur and it does occur, but on a case-by-case basis we
7 have to review that.

8 Q. Would you agree with me that it is a fairly common phenomenon
9 with skeletal remains?

10 A. I would say it is very context specific. With some skeletal
11 remains we get very nice profiles; with others, it does occur.

12 Q. Can you confirm that this phenomena we talked about, allele
13 dropout, and even locus dropout, may lead to an inaccurate
14 representation of the real DNA profile?

15 A. Yeah, we may be missing alleles from the profile.

16 Q. Now, if we could go to the 2023 report that I believe you have
17 reviewed for the purposes of your own report, and if we could turn to
18 ERN page 11672.

19 MS. CARILOU: I'll just -- yeah, this is page --

20 PRESIDING JUDGE VELDT-FOGLIA: Please proceed, Mr. Court
21 Officer.

22 MS. CARILOU: Yes.

23 Q. A table is provided for parentage statistics.

24 PRESIDING JUDGE VELDT-FOGLIA: Wait a moment until the table is
25 there.

1 MS. CARILOU: Is it possible to have -- sorry, I'm looking for
2 ERN page 110672. It's the table of reverse parentage statistics
3 which was an annex, I believe, in the 2023 report.

4 MR. DE MINICIS: If I may of assistance. The full ERN range
5 starts at 110670.

6 MS. CARILOU: Thank you. Thank you.

7 Q. So if we could focus on locus D19S433, which is the second one
8 in the list presented, we see that it is reported as having one
9 allele, allele 14. Now, on the basis of the information available in
10 the report, can you exclude that, for instance, the DNA profile
11 related to the bone fragment has alleles, for instance, 10 and 14
12 with allele 10 dropping out? Is this possible?

13 A. It's possible, but the scenario you present is unlikely because
14 with the smaller allele, it is less likely to drop out than a larger
15 allele. But it -- I cannot say it is impossible.

16 Q. Is it correct that allele dropout is generally observed with
17 lower allele peak heights?

18 A. The peak height on a DNA profile is when it drops below a
19 certain threshold. That would be when it drops out, when we cannot
20 see it any longer.

21 Q. Is it correct that allele detection thresholds expressed in
22 RFUs, in relative fluorescence units, are set to define the height of
23 peaks on an electropherogram below which allele dropout is considered
24 to be possible?

25 A. Yes, I would expect laboratories, when they're interpreting the

Witness: William Goodwin (Open Session)

Page 2228

Cross-examination by Ms. Cariolou

1 electropherograms, to have a specified threshold for -- of, like you
2 say, relative fluorescence units, which determines the point at which
3 they call or don't call an allele.

4 I would also expect, though, that there be -- in complex
5 profiles, there'd be some expert interpretation of that data because
6 obviously as the expert is interpreting it, you are aware that
7 dropout may or may not be happening.

8 PRESIDING JUDGE VELDT-FOGLIA: Defence Counsel, for the record,
9 because I don't see your question reflected completely in the
10 transcript, I think it would be of assistance that you would read out
11 the question again in order to have the whole question on record and
12 to assist our stenographer.

13 MS. CARILOU: Certainly, Your Honours. And apologies to the
14 stenographer.

15 Is it correct, the question was, that allele detection
16 thresholds in RFUs, in relative fluorescence units, are set to define
17 the height of peaks on an electropherogram below which allele dropout
18 is considered to be possible.

19 PRESIDING JUDGE VELDT-FOGLIA: My suggestion would be that with
20 these type of questions, we really have to adjust our pace in order
21 to be able to have an accurate transcript. Because it is different
22 kind of exchange of information than we normally have.

23 Please proceed.

24 MS. CARILOU: Thank you.

25 Q. Is it possible, Mr. Goodwin, that someone could interpret a peak

Witness: William Goodwin (Open Session)
Cross-examination by Ms. Cariolou

Page 2229

1 which is below the allele detection threshold as a real peak and call
2 it an allele despite the fact that it is below the allele detection
3 threshold?

4 MS. CARILOU: And maybe we can give a few minutes to the
5 stenographer.

6 PRESIDING JUDGE VELDT-FOGLIA: You are there. Yes.

7 THE WITNESS: The interpretation would depend very much on the
8 individual laboratory's own operating procedure for carrying out this
9 type of analysis. So normally, and this is generalisation, there is
10 a -- with complicated profiles, for example, in a degraded profile,
11 where you can use your expert opinion along with the standard
12 operating procedure to help to you interpret the profile.

13 MS. CARILOU:

14 Q. To your knowledge, what was the allele detection threshold used
15 for the purposes of the 2009 and 2023 reports?

16 A. I do not have any knowledge of processes used by the Czech
17 laboratory for the interpretation. I have been only asked to look at
18 the evaluation data.

19 Q. Do you agree with me that different DNA typing kits may have
20 different allele detection thresholds?

21 PRESIDING JUDGE VELDT-FOGLIA: [Microphone not activated].

22 MS. CARILOU: Typing kits.

23 PRESIDING JUDGE VELDT-FOGLIA: [Microphone not activated].

24 MS. CARILOU: STR -- DNA STR typing kits.

25 PRESIDING JUDGE VELDT-FOGLIA: Yes. So, again -- no. Now the

Witness: William Goodwin (Open Session)

Page 2230

Cross-examination by Ms. Cariolou

1 question is right. "Do you agree with me that different DNA type" --

2 MS. CARILOU: Typing kits.

3 PRESIDING JUDGE VELDT-FOGLIA: Typing kits. Very well.

4 THE WITNESS: The -- yes, different kits have different
5 sensitivities, although most are in a similar sort of order of
6 magnitude. It also depends on where the individual DNA markers are
7 within the profile, because in different kits they are positioned in
8 different places. So in some kits, a small loci may be a large loci
9 in another kit, and so that may affect the thresholds, or the
10 sensitivity, I should say.

11 MS. CARILOU:

12 Q. Thank you. Just going back a little bit. Just to illustrate
13 for the purposes of the case allele dropout and its potential, if we
14 could have a look again at the table we have on our screens on
15 parentage statistics. If the genotype at locus D19S433 concerning
16 the bone fragment is, for instance, 10 and 14, was -- as we can see
17 of the alleged mother as reported is 14 and 16, and that of the
18 alleged father as reported is 13 and 14, could you please tell us
19 whether parentage would be excluded or confirmed in this scenario?

20 A. In this scenario, we would have an inconsistency. It would not
21 exclude in its own right paternity because with these markers, they
22 have a relatively high mutation rate. And so there are two
23 explanations, either it is a true exclusion or the marker has mutated
24 between the transmission from parent to child.

25 Q. Thank you. And if we could repeat the exercise for a different

Witness: William Goodwin (Open Session)

Page 2231

Cross-examination by Ms. Cariolou

1 locus. If we take locus -- the genotype at locus D8S1179 concerning
2 the bone fragment. If we -- if the locus -- if a genotype at this
3 locus is 14 and, for instance, 18, was -- we see that of the alleged
4 mother as reported is 14 and 15, and that of the alleged father is 11
5 and 14, in this case, would parentage be excluded or confirmed?

6 A. Again, it would present an inconsistency which would have to be
7 incorporated into the calculations. And if we accumulate
8 inconsistencies, then the likelihood ratio becomes very low.

9 Q. And finally, let's take the last locus, FGA. If we assume there
10 that the genotype related to the bone fragment is 20 and 30, and that
11 of the alleged mother as reported is 20, 22, whereas that of the
12 alleged father is 20, 26, can you please tell us whether in this
13 scenario parentage would be excluded or confirmed?

14 A. Yes, as with the previous answers, it would present an
15 inconsistency and that would have to be incorporated into the
16 calculation.

17 Q. Thank you. But do you agree with me that assuming the alleles
18 not present in the profiles of the mother and father as mentioned,
19 kinship analysis in this case would lead to an exclusion of
20 parentage?

21 A. Without doing the calculation, I would say it would be very
22 likely.

23 Q. Is it possible in the three loci that we discussed that we could
24 have heterozygote loci which were incorrectly interpreted as
25 homozygote?

Witness: William Goodwin (Open Session)

Page 2232

Cross-examination by Ms. Cariolou

1 PRESIDING JUDGE VELDT-FOGLIA: Before answering, could you
2 repeat the question --

3 MS. CARILOU: Of course.

4 PRESIDING JUDGE VELDT-FOGLIA: -- because we lost one important
5 word.

6 MS. CARILOU:

7 Q. I was just asking if it is possible with regard to the three
8 loci that we discussed, that we could have heterozygote loci which
9 were incorrectly interpreted as homozygote?

10 PRESIDING JUDGE VELDT-FOGLIA: Very well. Thank you.

11 JUDGE MIKULA: Please, there is something wrong in the
12 transcript. The question was "that we could have heterozygote loci
13 which were incorrectly interpreted as homozygote." We missed the
14 "loci" word. 14, line 14.

15 PRESIDING JUDGE VELDT-FOGLIA: Defence Counsel, the question as
16 is now reflected in line 14 till 16, that -- does that reflect your
17 question accurately?

18 MS. CARILOU: Yes, with the exception that we could add, as
19 rightly pointed out by Your Honours, that we could have heterozygote
20 loci which were incorrectly interpreted as homozygote. And of
21 course, the witness is in a much better position to explain.

22 PRESIDING JUDGE VELDT-FOGLIA: Of course. It's just that we
23 want to reflect your question accurately. Thank you.

24 Please. Please proceed, Defence Counsel.

25 MS. CARILOU:

Witness: William Goodwin (Open Session)
Cross-examination by Ms. Cariolou

Page 2233

1 Q. If we could have the answer by the witness.

2 A. Based on what is front of us here, it is a possibility. I mean,
3 I would add the caveat that I would expect a laboratory experienced
4 in interpreting electropherograms to be cognisant of this and to be
5 wary of it.

6 Q. Isn't it right to say that normally particularly when
7 analysing --

8 PRESIDING JUDGE VELDT-FOGLIA: You really have to wait between
9 question and answer [Microphone not activated].

10 THE INTERPRETER: Microphone. Microphone for Your Honour,
11 please.

12 PRESIDING JUDGE VELDT-FOGLIA: We really have to slow down
13 between the questions and the answers. If not, we cannot have an
14 accurate transcript.

15 And apologies.

16 MS. CARILOU:

17 Q. Isn't it fair to say that normally when analysing bone
18 fragments, you would expect to see an entry for both alleles even
19 when we are referring to homozygote loci?

20 A. No.

21 Q. Okay.

22 PRESIDING JUDGE VELDT-FOGLIA: Please proceed.

23 THE WITNESS: This software, I believe, this is in the CODIS
24 software which is a US software, and the normal practice in the US is
25 to just -- when you have a homozygous loci is to only include one

1 allele. In Europe or the rest of the world, we tend to use two -- we
2 repeat the allele, but in the US system, they tend to have just one
3 entry.

4 MS. CARILOU:

5 Q. Mr. Goodwin, how can we assess whether the electropherograms
6 have been interpreted and transcribed correctly?

7 A. You would have to be -- you would have to review them.

8 Q. Let's -- thank you. Let's now move to a slightly different
9 topic.

10 You are, of course, familiar with the article published by Prinz
11 and others in 2007 on the recommendations regarding the role of
12 forensic genetics for disaster victim identification by the DNA
13 Commission of the International Society For Forensic Genetics. We
14 disclosed this --

15 PRESIDING JUDGE VELDT-FOGLIA: I think it would be of assistance
16 to --

17 MS. CARILOU: To repeat.

18 PRESIDING JUDGE VELDT-FOGLIA: -- repeat again the title of the
19 article.

20 MS. CARILOU:

21 Q. So we're talking about the article published by Prinz and others
22 in 2007 on the recommendations regarding the role of forensic
23 genetics for disaster victim identification by the DNA Commission of
24 the International Society for Forensic Genetics. And ...

25 PRESIDING JUDGE VELDT-FOGLIA: Yes.

Witness: William Goodwin (Open Session)
Cross-examination by Ms. Cariolou

Page 2235

1 MS. CARILOU: We've disclosed it yesterday and it has received
2 ERN numbers DPS00259 until, I believe, 1 -- I believe 12PS00269
3 [sic]. 12PS00269.

4 PRESIDING JUDGE VELDT-FOGLIA: Could you repeat the first
5 number. I see DPS.

6 MS. CARILOU: DPS00259 until DPS00269.

7 PRESIDING JUDGE VELDT-FOGLIA: Thank you.

8 MS. CARILOU:

9 Q. Now, on page 9 of this paper it is stated, and I read that:

10 "It is not necessary to have all amplification attempts in the
11 central database if a properly reviewed consensus profile is present
12 and previous data is available for review."

13 [Trial Panel and Court Officer confer]

14 PRESIDING JUDGE VELDT-FOGLIA: I suggest that we bring on the
15 screen the article you are citing and that we go to the specific page
16 and excerpt you are reading out. That would assist the interpreters
17 to translate the specific excerpt directly from the paper, in
18 addition to you reading it out.

19 MS. CARILOU: Certainly, Your Honours. So if we could turn to
20 page 9 -- I believe we are looking at page 10 right now. If we look
21 at page 9, towards the middle of the page in section 5, the last
22 sentence of section 5, and perhaps we could zoom in a little bit.

23 Q. So the last sentence on section 5, it is stated that:

24 "It is not necessary to have all amplification attempts in the
25 central database if a properly reviewed consensus profile is present

Witness: William Goodwin (Open Session)
Cross-examination by Ms. Cariolou

Page 2236

1 and previous data is available for review."

2 PRESIDING JUDGE VELDT-FOGLIA: Please proceed.

3 MS. CARILOU:

4 Q. Mr. Goodwin, could you please explain to the Panel what is
5 considered a consensus profile.

6 A. A consensus profile is where the DNA profiling process is
7 repeated, either with the same methodology or slightly different
8 methodology to -- and in both cases, we get a partial profile. We
9 can combine those into a consensus profile as long as we have
10 sufficient overlapping information.

11 PRESIDING JUDGE VELDT-FOGLIA: And I read out, because I see
12 that a word is lacking:

13 "A consensus profile is where the DNA profiling process is
14 repeated either with the same methodology or slightly different
15 methodology to," and then a word is lacking, "and in both cases we
16 get a partial profile."

17 And then you continue.

18 Do you know what word is lacking there?

19 THE WITNESS: Sorry, could you read it again.

20 PRESIDING JUDGE VELDT-FOGLIA: You could also look at it in
21 front of you. Then you go to page 27, line -- it starts at line 9
22 and the word "lacking" is in line 11.

23 THE WITNESS: I think it's just to say to profile the DNA.

24 PRESIDING JUDGE VELDT-FOGLIA: [Microphone not activated].

25 Please proceed.

Witness: William Goodwin (Open Session)

Page 2237

Cross-examination by Ms. Cariolou

1 MS. CARILOU:

2 Q. Is it fair to say that logically, and as a matter of good
3 practice, you would expect to see reference in the methodology of a
4 report to all DNA STR typing kits that were used for the purposes of
5 their analysis?

6 A. This would normally be present but obviously it depends on the
7 requirements of each legal system.

8 Q. Now, if we go back to the recommendations of the DNA Commission
9 of the International Society for Forensic Genetics, it's the article
10 that we have on our screens, on page 9, it is the sentence that we
11 read, where it is stated that -- I'm just repeating the same
12 sentence, "that not all amplification attempts must be presented if
13 we have a properly reviewed consensus profile and previous data is
14 available for review."

15 To your knowledge --

16 PRESIDING JUDGE VELDT-FOGLIA: [Microphone not activated].

17 MS. CARILOU: Yes, of course.

18 PRESIDING JUDGE VELDT-FOGLIA: Please proceed.

19 MS. CARILOU:

20 Q. To your knowledge, was the profile presented in the 2023 report
21 properly reviewed?

22 A. I have no knowledge of the review process.

23 Q. Did you have the opportunity to review the electropherograms or
24 the DNA profiles generated in 2009?

25 A. I was not asked to do this.

Witness: William Goodwin (Open Session)
Cross-examination by Ms. Cariolou

Page 2238

1 Q. Can you confirm whether the alleles from the electropherograms
2 have been interpreted and transcribed correctly in the 2023 report?

3 A. I have not seen electropherograms so I cannot comment on that.

4 Q. If we can go back to the recommendations --

5 PRESIDING JUDGE VELDT-FOGLIA: Defence Counsel, just to go back
6 to your question on page 28, line 24 and 25:

7 "Can you confirm whether the alleles from the electropherograms
8 have been interrupted" --

9 MS. CARILOU: Interpreted.

10 PRESIDING JUDGE VELDT-FOGLIA: Interpreted.

11 MS. CARILOU: Interpreted. Thank you, Your Honours. And
12 transcribed correctly.

13 PRESIDING JUDGE VELDT-FOGLIA: In the 2023 report. That's now
14 noted.

15 Please proceed, Defence Counsel.

16 MS. CARILOU: If we could go back to the recommendations and if
17 we could have on our screen page 10. And if we could go to
18 recommendation 12 which is at the bottom of the page.

19 Q. We see that it is stated here:

20 "The preparedness plan of the laboratory needs to include
21 policies for family notification, long-term sample disposition and
22 data archiving."

23 Do you agree that data archiving is essential in forensic
24 genetics particularly where an analysis is requested by a judicial
25 order?

1 A. Yes. There is would certainly be an expectation that the
2 laboratory would keep or transfer the data in a contractual agreement
3 with the instructing authority.

4 Q. Can we now turn to one of your own --

5 PRESIDING JUDGE VELDT-FOGLIA: No, wait.

6 MS. CARILOU: Oh, sorry.

7 PRESIDING JUDGE VELDT-FOGLIA: We have to -- I see that are you
8 doing a real effort, Defence Counsel. I know that you're doing your
9 utmost. I think we can --

10 MS. CARILOU: Just a correction perhaps to the transcript.
11 Apologies. Line 19, I believe Mr. Goodwin has said: "Yes, there
12 would be an expectation," not exception.

13 Q. Please correct me if I'm wrong.

14 A. Yes, you are correct.

15 PRESIDING JUDGE VELDT-FOGLIA: No, no, wait, please.

16 Okay. You may proceed.

17 MS. CARILOU:

18 Q. Can we now turn to one of your recent publications that you have
19 co-authored entitled: "The search process: Integrating the
20 investigation and identification of missing and unidentified
21 persons," which was published in 2021. It's one of the articles that
22 we disclosed yesterday and I believe the ERN numbers are DPS00237
23 until DPS00258.

24 PRESIDING JUDGE VELDT-FOGLIA: Thank you, Mr. Court Officer.

25 MS. CARILOU: If we could turn on page 2 of the paper,

Witness: William Goodwin (Open Session)

Page 2240

Cross-examination by Ms. Cariolou

1 paragraph m.

2 Q. Mr. Goodwin, perhaps you could read for us paragraph m, what is
3 stated at paragraph m, for the record.

4 PRESIDING JUDGE VELDT-FOGLIA: I propose that you read it --

5 MS. CARILOU: That I read it?

6 PRESIDING JUDGE VELDT-FOGLIA: That you read it.

7 MS. CARILOU: Of course.

8 Q. It is stated there:

9 "The Search process must follow basic investigative and
10 criminalistics principles in order to guarantee the reliability of
11 the results, regardless of the main purpose of the project or mandate
12 of a given mechanism (criminal proceedings and/or humanitarian
13 action). In this sense, documentation and preservation of evidence,
14 chain of custody, secure and appropriate storage, et cetera, are
15 required to maintain the integrity of the evidence and information
16 collected, and thus the quality and reliability of the results."

17 Would you -- and here comes the question.

18 Would you agree with me that the most important evidence for DNA
19 testing would be the electropherograms?

20 A. The electropherograms are important for the interpretation of
21 the DNA profile.

22 Q. And this is my last question, really.

23 Can you vouch for the quality and the reliability of the results
24 presented in the reports of 2009 and 2023, despite the fact that the
25 electropherograms are not available for review and have been

Witness: William Goodwin (Open Session)
Cross-examination by Ms. Cariolou

Page 2241

1 destroyed?

2 A. Without seeing the electropherograms, I cannot comment on the
3 interpretation of them.

4 Q. Thank you, Mr. Witness.

5 MS. CARILOU: I have no further questions.

6 PRESIDING JUDGE VELDT-FOGLIA: Thank you, Defence Counsel. I
7 see the other Defence Counsel standing.

8 MR. AOUINI: Sorry, Your Honour.

9 PRESIDING JUDGE VELDT-FOGLIA: You have the floor.

10 MR. AOUINI: Just allow us a couple of moments to go back to
11 some corrections of the transcript.

12 PRESIDING JUDGE VELDT-FOGLIA: Yes.

13 MR. AOUINI: Thank you.

14 PRESIDING JUDGE VELDT-FOGLIA: Yes, you may.

15 MS. CARILOU: [Microphone not activated]

16 PRESIDING JUDGE VELDT-FOGLIA: Please, your mic -- yes, thank
17 you.

18 MS. CARILOU: Oh, yes. I believe there is a correction
19 required on page 20 of the transcript, lines 5 to 8.

20 PRESIDING JUDGE VELDT-FOGLIA: Allow to us go there. Page 20,
21 lines 5 to 8. Please proceed.

22 MS. CARILOU: I'll just state the question again just so that
23 we make sure that it is correctly transcribed. The question was: Is
24 it correct that allele detection thresholds in relative fluorescence
25 units, RFUs --

Witness: William Goodwin (Open Session)

Page 2242

Cross-examination by Ms. Cariolou

1 PRESIDING JUDGE VELDT-FOGLIA: Wait, wait. Please.

2 MS. CARILOU: -- are set to define -- to define the height of
3 peaks on an electropherogram below which allele dropout is considered
4 to be possible.

5 And there is another correction that I see here. On page 30,
6 line 1 -- oh, yes, I see. It should read that the witness has said
7 that there would be a expectation, not exception.

8 PRESIDING JUDGE VELDT-FOGLIA: That is --

9 MS. CARILOU: Is what we had confirmed with the witness earlier
10 on.

11 PRESIDING JUDGE VELDT-FOGLIA: Yes, that is in line 1 and line 2
12 of page 30 of the provisional transcript. The word "exception"
13 should read as "expectation."

14 MS. CARILOU: Only the first time. I believe that because it's
15 a correction, it was originally transcribed as "exception" and we
16 corrected it to say "expectation" and not "exception."

17 PRESIDING JUDGE VELDT-FOGLIA: Okay. Very well.

18 MS. CARILOU: Perhaps it would make sense [Overlapping
19 speakers] ...

20 PRESIDING JUDGE VELDT-FOGLIA: Yes.

21 MS. CARILOU: Thank you, Your Honours.

22 PRESIDING JUDGE VELDT-FOGLIA: No any further adjustments for
23 now? No. Good. Thank you.

24 Thank you, Defence Counsel.

25 Mr. Prosecutor, would you like to re-direct -- do a re-direct

Witness: William Goodwin (Open Session)

Page 2243

Re-examination by Mr. De Minicis

1 examination of the witness?

2 MR. DE MINICIS: I have one question for the witness,
3 Your Honour.

4 PRESIDING JUDGE VELDT-FOGLIA: Very well. You may proceed.

5 Re-examination by Mr. De Minicis:

6 Q. Mr. Goodwin, you have been very clear today about the
7 information that was made available to you and the information that
8 was not. And you equally stated when the lack of information
9 prevented you from drawing certain conclusions or making
10 observations.

11 Based on the information that you received, do you have any
12 reason to believe that the DNA profiles were incorrectly extracted or
13 interpreted by the Czech laboratory?

14 A. I have no reason to expect -- to think that mistakes were made
15 by the Czech laboratory, based on what I have seen.

16 MR. DE MINICIS: Thank you.

17 PRESIDING JUDGE VELDT-FOGLIA: Thank you, Mr. Prosecutor.

18 The document can be taken down, Mr. Court Officer. Thank you.
19 Victims' Counsel, do you have any questions?

20 MR. LAWS: Yes. May I ask just one or two questions, please.

21 PRESIDING JUDGE VELDT-FOGLIA: Please proceed.

22 Questioned by Victims' Counsel:

23 Q. Mr. Goodwin, is it unusual for forensic scientists to have to
24 work with very small amounts of DNA or degraded samples?

25 A. It is not -- it is the typical material that forensic

Witness: William Goodwin (Open Session)

Page 2244

Questioned by Victims' Counsel

1 laboratories, especially criminal laboratories, are faced with, or
2 laboratories that work in criminal case work.

3 Q. Thank you. Is it unusual for laboratories of that kind to have
4 to work with a partial profile?

5 A. Again, the answer is no. It is very common for laboratories
6 that deal with criminal case work to work with partial profiles.

7 Q. Thank you.

8 MR. LAWS: May we look at the document which was shown to the
9 witness by Defence Counsel which shows the profile in this case.
10 With Your Honours' leave, its ERN is 110670 to 110674.

11 PRESIDING JUDGE VELDT-FOGLIA: Please proceed.

12 MR. LAWS: And when we have it, if we could come down to 110672,
13 please. Thank you.

14 Q. Mr. Goodwin, you will recall being asked a number of questions
15 about the missing data on this profile by Defence Counsel. You
16 recall those questions?

17 A. I do.

18 Q. And my question for you is this: Do the probability
19 calculations that you have told us about take into account the fact
20 that there is some missing data here or do they ignore it?

21 A. I would just correct one sort of part of that question. Data is
22 not necessarily missing.

23 Q. No.

24 A. It's -- the data is there. It is just shown once when it is a
25 homozygote.

Witness: William Goodwin (Open Session)
Further Cross-examination by Ms. Cariolou

Page 2245

1 Q. Yes.

2 A. So there's nothing -- can you repeat the question, sorry. So
3 the probability calculations have used all the information within
4 there including the presence of homozygote alleles when making the
5 calculation as to relatedness.

6 Q. Thank you.

7 PRESIDING JUDGE VELDT-FOGLIA: Victims' Counsel, I understand
8 you have no more questions. No.

9 Victims' Counsel, you have no more questions?

10 MR. LAWS: I have no more questions, Your Honour. Thank you.

11 PRESIDING JUDGE VELDT-FOGLIA: Good. We noted that.

12 Defence Counsel, would you like to continue with rejoinder
13 examination of the witness? And if yes, how long will you need?

14 MS. CARILOU: Just one clarification on the basis of the last
15 answer.

16 Further Cross-examination by Ms. Cariolou:

17 Q. Mr. Goodwin, is there any indication in the report that the
18 alleles were homozygote, in the report that we just discussed?

19 A. The alleles as presented I would interpret as being homozygote.
20 Normally, if we felt there was a missing allele, that would be
21 indicated by some mechanism, depending on the laboratory. But
22 typically, in this format, this is how I would expect homozygotes
23 alleles represented.

24 Q. Thank you.

25 PRESIDING JUDGE VELDT-FOGLIA: Thank you. No more questions

1 from the Defence neither.

2 I will now verify among the Panel if we have any questions for
3 you, Mr. Goodwin.

4 [Trial Panel confers]

5 PRESIDING JUDGE VELDT-FOGLIA: Mr. Goodwin, from the side of the
6 Panel there are no questions, so that means that we reached the end
7 of your testimony. I would like to thank you for your efforts put
8 into giving your testimony before this Court and assisting the Panel
9 to find the truth.

10 So, again, thank you, and I wish you a safe trip home. And
11 Madam Court Usher will accompany you out of the courtroom.

12 THE WITNESS: Thank you, Your Honour.

13 [The witness withdrew]

14 PRESIDING JUDGE VELDT-FOGLIA: Thank you, Madam Court Usher.

15 Very well. In one session we did this.

16 Before we adjourn, I would like to ask the parties and
17 participants to see if there is something you would like to raise
18 with the Panel.

19 Mr. Prosecutor.

20 MR. DE MINICIS: Not at this time, Your Honour. Thank you.

21 PRESIDING JUDGE VELDT-FOGLIA: Thank you.

22 Victims' Counsel, you have the floor.

23 MR. LAWS: No, thank you, Your Honour.

24 PRESIDING JUDGE VELDT-FOGLIA: Very well.

25 MR. GILISSEN: Same, Your Honour. Nothing. Thank you.

1 PRESIDING JUDGE VELDT-FOGLIA: Thank you.

2 Then we will then thank our -- especially our stenographer
3 today. It was a difficult exercise, and next time we will all do our
4 utmost to speak slower to assist you in this work.

5 Thank you to the interpreters for today and our security and the
6 audiovisual booth.

7 We will adjourn the hearing till after the judicial summer
8 recess and the first time we will meet again will be 21 August, 9.30.

9 The hearing is adjourned.

10 --- Whereupon the hearing adjourned at 10.52 a.m.

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25